

EXHIBIT 2

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

Page 1

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN FRANCISCO DIVISION

WAYMO LLC,)
Plaintiff,)
vs.) Case No.
UBER TECHNOLOGIES, INC.;) 3:17-CV-00939-WHA
OTTOMOTTO LLC; OTTO TRUCKING)
LLC,)
Defendants.)
_____)

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

OTTO TRUCKING LLC'S VIDEOTAPED 30(b)(6) DEPOSITION OF
PIERRE-YVES DROZ
Palo Alto, California
Tuesday, August 22, 2017
Volume I

Reported by:
CATHERINE A. RYAN
CMR, CRR, CSR No. 8239
Job No. 2685937

PAGES 1 - 92

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

<p>1 Q At these -- at these open garages was 14:43:52 2 there someone available to answer questions about 3 how the LiDAR devices worked? 4 A There was. 5 Q And who were those people? 14:43:59 6 A So in -- I'm sorry. In Mountain View 7 there was me. 8 Q And what about in Austin and Phoenix? 9 A And I think Gill was one of them for -- 10 one of the achievements, I think, was the Phoenix 14:44:11 11 event, but I'm not sure, and I'm not sure who was 12 there for the Austin event. 13 Q Anyone else? 14 A Not that I know of. 15 Q Did GBr2 have [REDACTED]? 14:44:23 16 MR. JAFFE: Objection. Beyond the scope. 17 THE WITNESS: GBr2 has [REDACTED] 18 not anything you can see from looking at the 19 outside, though. That's for sure. 20 BY MR. CHATTERJEE: 14:44:39 21 Q Are you aware of any public disclosures of 22 the printed circuit boards for any of the LiDAR 23 devices used by Waymo or Google? 24 MR. JAFFE: Object to form. 25 THE WITNESS: I'm not aware of any public 14:44:52</p> <p style="text-align: right;">Page 30</p>	<p>1 I reconsidered at the time, and then having those 14:46:32 2 devices home was more information that I considered 3 as probably not being a good idea. 4 Q Other than Mr. Levandowski, was anyone 5 else aware of the fact that you had a TBR device at 14:46:47 6 home? 7 A I'm not sure. Maybe. I'm not sure, 8 actually. 9 Q Would Mr. Urmson have known? 10 A I don't think he knew. 14:46:59 11 Q Or Mr. Salesky? 12 A I don't think he knew. 13 Q Other than Mr. Pennecot and you, are you 14 aware of anyone else keeping any momentos, printed 15 circuit boards associated with Google's LiDAR 14:47:11 16 devices? 17 MR. JAFFE: Object to form. 18 THE WITNESS: I remember I got Laila maybe 19 at some point, like -- I know she had one at her 20 desk. I don't know if it ever left Google, though. 14:47:27 21 BY MR. CHATTERJEE: 22 Q Who is Laila? 23 A Laila Mattos. 24 Q And who -- what was her role? 25 A Project manager. I think it stayed on her 14:47:36</p> <p style="text-align: right;">Page 32</p>
<p>1 disclosure. 14:44:53 2 BY MR. CHATTERJEE: 3 Q Are you aware of anybody keeping printed 4 circuit boards as momentos when they've either left 5 Waymo or Google or just even as they've continued as 14:45:06 6 employees? 7 A I'm aware of a few instances there. None 8 of these were GBr circuit boards, just to make sure. 9 Q So tell me what those instances are. 10 A So the -- I know that Gaetan had kept, I 14:45:20 11 guess, a PBR5, and I brought home, like, a PBR5 and 12 a TBR, which had been brought back to -- to Google a 13 few months later. 14 Q When did you take that momento? 15 A I think that was -- Anthony was still 14:45:46 16 around because he was the one who told us we could 17 do that. So it was probably -- I mean, I guess a 18 year and -- two years ago maybe. 19 Q And why did you bring the TBR board back? 20 A So that was a -- that was [REDACTED] 21 [REDACTED] The -- you know, after 22 Anthony left and things got a bit complicated -- not 23 complicated -- sorry -- that things got -- there was 24 a lot of -- there was a lot of information that 25 Anthony, like, had fed me that I thought was -- that 14:46:27</p> <p style="text-align: right;">Page 31</p>	<p>1 desk, but -- 14:47:39 2 Q And what -- what device was on her desk? 3 A A PBR5. 4 Q Is PBR5 in use today? 5 A No. 14:47:50 6 Q Why not? 7 A It was -- 8 MR. JAFFE: Object to form -- 9 THE WITNESS: Sorry. 10 MR. JAFFE: -- and outside the scope. 14:47:53 11 THE WITNESS: PBR5 is the much earlier 12 version to the PBR we use today. It's a very 13 different -- very different capabilities, very 14 different technology, and I think we -- we stopped 15 using it probably, like, three years ago-ish. 14:48:08 16 Something like that. 17 MR. CHATTERJEE: I'm going to mark this as 18 1826. 19 (Exhibit 1826 was marked for 20 identification by the court reporter.) 14:48:32 21 BY MR. CHATTERJEE: 22 Q I've marked the document as 1826. I'm 23 going to give you the physical specimens in a 24 minute. I just want to have a record of what it is 25 I'm giving you. These are pictures of each side of 14:48:44</p> <p style="text-align: right;">Page 33</p>

Pages 30 to 33

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

<p>1 the device that I'm giving you. 14:48:46</p> <p>2 A Okay.</p> <p>3 Q I'm going to hand you the device here, and</p> <p>4 you can open the bag and take it out.</p> <p>5 A Yeah. 14:48:54</p> <p>6 Q Do you recognize these devices, Mr. Droz?</p> <p>7 A Let me look at the identification number</p> <p>8 on it.</p> <p>9 Q I can give you a magnifying glass if it</p> <p>10 would be helpful. 14:49:12</p> <p>11 MR. JAFFE: Has this been made available</p> <p>12 in discovery?</p> <p>13 MR. CHATTERJEE: It is now.</p> <p>14 MR. JAFFE: You're just making available</p> <p>15 that you had devices in discovery? 14:49:17</p> <p>16 MR. CHATTERJEE: I just got them.</p> <p>17 MR. JAFFE: From where?</p> <p>18 MR. CHATTERJEE: Yesterday. You'll find</p> <p>19 out soon enough.</p> <p>20 Q Go ahead, Mr. Droz. 14:49:23</p> <p>21 A Okay. So -- sorry. What is your</p> <p>22 question?</p> <p>23 Q Do you recognize these documents?</p> <p>24 A I mean, documents, no, but the boards,</p> <p>25 yes. 14:49:38</p> <p style="text-align: right;">Page 34</p>	<p>1 A No, I was not at her goodbye party. 14:50:38</p> <p>2 Q Are you aware of anyone giving her these</p> <p>3 as a gift at a going away party?</p> <p>4 A I was totally unaware of that, no.</p> <p>5 Q Okay. And -- and you were never present 14:50:51</p> <p>6 in any of those meetings?</p> <p>7 A The party I was not, no.</p> <p>8 Q You're familiar she left the company?</p> <p>9 A Yeah.</p> <p>10 Q Up until today were you aware that Ms. Oz 14:50:58</p> <p>11 actually had earrings that were the two printed</p> <p>12 circuit boards of the GBr[REDACTED]?</p> <p>13 A No, I just discovered it today. You just</p> <p>14 told me, basically.</p> <p>15 Q And [REDACTED] 14:51:12</p> <p>16 Do you know what the difference is between</p> <p>17 [REDACTED]</p> <p>18 A The exact differences, no. I mean, it's</p> <p>19 like, a small improvement, better version, hence the</p> <p>20 different in the output. 14:51:31</p> <p>21 Q But these ones would have the [REDACTED]</p> <p>22 [REDACTED] right?</p> <p>23 A It actually doesn't because it doesn't</p> <p>24 have diodes on it. This is just bare PCB.</p> <p>25 Q It would just have the PCB? 14:51:39</p> <p style="text-align: right;">Page 36</p>
<p>1 Q Okay. What are those boards? 14:49:38</p> <p>2 A Those boards are early version of the GBr2</p> <p>3 transmit boards.</p> <p>4 Q Okay. If you would look -- you can use</p> <p>5 the magnifying glass if it would be helpful. It's 14:49:47</p> <p>6 [REDACTED] and then [REDACTED]</p> <p>7 Do you see that?</p> <p>8 A Yes, that would be two versions of it.</p> <p>9 Q Now, there's something attached to the</p> <p>10 side of those. Do you see those? Do you know what 14:50:04</p> <p>11 those are?</p> <p>12 A They look like ear- -- like -- I don't</p> <p>13 know. I think they look like ear- -- how do you</p> <p>14 call it? Like, earring rings.</p> <p>15 Q Are you familiar with anyone giving 14:50:16</p> <p>16 earrings -- giving the printed circuit boards as</p> <p>17 earrings to someone as a gift?</p> <p>18 A No, I'm not.</p> <p>19 Q Okay. And so you're not familiar with</p> <p>20 Seval Oz? 14:50:26</p> <p>21 A I'm sorry. I'm familiar with her, but her</p> <p>22 having that, I'm totally not familiar with it.</p> <p>23 Q So you were not at a -- at a party where</p> <p>24 they gave these to her as a gift when she left the</p> <p>25 company? 14:50:37</p> <p style="text-align: right;">Page 35</p>	<p>1 A Yeah, this is just bare PCB. 14:51:40</p> <p>2 Q Now, when I look at those, can I tell that</p> <p>3 there are [REDACTED] on it?</p> <p>4 A Actually, let me rephrase what I just</p> <p>5 said. This one doesn't have diodes. The left one 14:51:49</p> <p>6 does have diodes.</p> <p>7 Q But you can actually see them on there,</p> <p>8 right?</p> <p>9 A Yeah. Can I see?</p> <p>10 Q This is the [REDACTED] version, correct? 14:51:56</p> <p>11 A Corrected. Yes. It does have lasers.</p> <p>12 Q And it also has the [REDACTED]</p> <p>13 right?</p> <p>14 A Yes, I couldn't tell you if they're --</p> <p>15 what size they are, what -- 14:52:18</p> <p>16 Q Do you know if the size for those [REDACTED]</p> <p>17 [REDACTED] changed from GBr2 to GBr3?</p> <p>18 A I am not sure. I don't know. That I</p> <p>19 don't know. I know that the [REDACTED]</p> <p>20 changed a little bit. So it's possible that they 14:52:30</p> <p>21 changed the --</p> <p>22 Q Would you be concerned at all that someone</p> <p>23 gave these to Ms. Oz as a gift when she was leaving</p> <p>24 her employment --</p> <p>25 A Yeah. 14:52:42</p> <p style="text-align: right;">Page 37</p>

Pages 34 to 37

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

<p>1 Q -- with Google? 14:52:42</p> <p>2 A Yes.</p> <p>3 Q Why?</p> <p>4 A Because this is -- this is confidential</p> <p>5 information. This is our designs. That's not 14:52:47</p> <p>6 something we should give to someone, especially if</p> <p>7 someone is leaving the company.</p> <p>8 Q Would it surprise you that these earrings</p> <p>9 were given to her on the Google facilities as a gift</p> <p>10 before she left? 14:53:00</p> <p>11 MR. JAFFE: Object to form.</p> <p>12 THE WITNESS: So let me put it this way:</p> <p>13 It would surprise me. If Anthony had done this, it</p> <p>14 would surprise me less, but that's more just from my</p> <p>15 knowledge of what -- 14:53:16</p> <p>16 BY MR. CHATTERJEE:</p> <p>17 Q If he hadn't given it to her but someone</p> <p>18 else had, would that surprise you?</p> <p>19 A It would, yes, probably.</p> <p>20 Q Where does Ms. Oz work now? 14:53:27</p> <p>21 A I'm not sure. I know she was even like --</p> <p>22 when she left Google, she was getting pretty -- an</p> <p>23 executive role, maybe CEO of some -- I don't know</p> <p>24 exactly -- I didn't know Seval that well.</p> <p>25 Q Do you know if she's working on 14:53:45</p> <p style="text-align: right;">Page 38</p>	<p>1 MR. JAFFE: Same objection. 14:54:36</p> <p>2 THE WITNESS: I'm not aware of that.</p> <p>3 BY MR. CHATTERJEE:</p> <p>4 Q You're not aware of any instance where a</p> <p>5 picture of a Google printed circuit board for LiDAR 14:54:41</p> <p>6 was published in a catalog?</p> <p>7 A A picture of -- no.</p> <p>8 Q Do you know why Anthony Levandowski was</p> <p>9 not sued for trade secret misappropriation?</p> <p>10 MR. JAFFE: Object to form. And 14:55:12</p> <p>11 objection. Beyond the scope.</p> <p>12 What topic are we on here?</p> <p>13 MR. CHATTERJEE: "All measures taken by</p> <p>14 Google and/or Waymo to protect trade secrets Waymo</p> <p>15 claims was misappropriated by Otto Trucking." 14:55:21</p> <p>16 Whether you sued him or not and why falls within</p> <p>17 that.</p> <p>18 MR. JAFFE: That is not a question for a</p> <p>19 fact witness. Is -- that is not within the scope --</p> <p>20 MR. CHATTERJEE: He's a 30(b)(6) witness. 14:55:30</p> <p>21 He can answer it. If he doesn't know, he can say he</p> <p>22 doesn't know.</p> <p>23 MR. JAFFE: Objection. Beyond the scope.</p> <p>24 And I'm going to object to form.</p> <p>25 THE WITNESS: I don't know why. 14:55:38</p> <p style="text-align: right;">Page 40</p>
<p>1 self-driving car technologies? 14:53:47</p> <p>2 A I don't -- I don't know if she is.</p> <p>3 Q Okay. I can take the earrings back.</p> <p>4 A Sure.</p> <p>5 MR. CHATTERJEE: We'll make them available 14:53:55</p> <p>6 for inspection whenever you want, Jordan. We'll</p> <p>7 keep the pictures of the record just so it's clear</p> <p>8 what it was.</p> <p>9 THE WITNESS: Sorry.</p> <p>10 MR. JAFFE: Thank you. 14:54:05</p> <p>11 BY MR. CHATTERJEE:</p> <p>12 Q Are you aware --</p> <p>13 A I'm sorry.</p> <p>14 Q No. You can hold on to that.</p> <p>15 Are you aware of any vendors publishing 14:54:12</p> <p>16 pictures of printed circuit boards made --</p> <p>17 A I mean of our circuit boards?</p> <p>18 Q Let me ask it more precisely. That's a</p> <p>19 fair point.</p> <p>20 Are you aware of any vendors publishing 14:54:27</p> <p>21 pictures of the printed circuit boards or designs of</p> <p>22 Google?</p> <p>23 MR. JAFFE: Object to form.</p> <p>24 BY MR. CHATTERJEE:</p> <p>25 Q For LiDAR devices? 14:54:36</p> <p style="text-align: right;">Page 39</p>	<p>1 MR. JAFFE: Oh, and -- I don't think it's 14:55:40</p> <p>2 problematic given your answer, but I also just want</p> <p>3 to caution you not to reveal any attorney-client</p> <p>4 communications.</p> <p>5 BY MR. CHATTERJEE: 14:55:48</p> <p>6 Q Do you know if Google does anything to</p> <p>7 ensure compliance by vendors with respect to</p> <p>8 Google's confidential information associated with</p> <p>9 its printed circuit boards?</p> <p>10 MR. JAFFE: Objection. Beyond the scope. 14:56:01</p> <p>11 THE WITNESS: So I know that we -- you</p> <p>12 know, from a -- from talking with Tim Willis and</p> <p>13 seeing how the DSM team works, I know they do</p> <p>14 inspect the counter facilities. I think one of the</p> <p>15 thing they check is how the information there is 14:56:17</p> <p>16 segmented into -- between different projects. I</p> <p>17 know we have NDAs put in place with vendors and, you</p> <p>18 know, subcontractors as well.</p> <p>19 BY MR. CHATTERJEE:</p> <p>20 Q Do you know if they do any proactive 14:56:33</p> <p>21 monitoring to make sure that vendors aren't using</p> <p>22 the information incorrectly?</p> <p>23 MR. JAFFE: Objection. Beyond the scope.</p> <p>24 Form.</p> <p>25 THE WITNESS: I know we do site visits. 14:56:42</p> <p style="text-align: right;">Page 41</p>

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

<p>1 BY MR. CHATTERJEE: 15:48:44</p> <p>2 Q All right. Do you design printed circuit</p> <p>3 boards?</p> <p>4 A I do design PCBs, yes.</p> <p>5 Q And when you design PCBs, do you refer to 15:48:55</p> <p>6 parts libraries at all?</p> <p>7 MR. JAFFE: Objection. Beyond the scope.</p> <p>8 THE WITNESS: Do you mean, like, do I use</p> <p>9 the parts libraries that --</p> <p>10 BY MR. CHATTERJEE: 15:49:04</p> <p>11 Q Correct.</p> <p>12 A I do use the -- the Google or Waymo parts</p> <p>13 libraries.</p> <p>14 Q And where do those parts of the parts</p> <p>15 libraries come from? 15:49:13</p> <p>16 A We make them. Either I or some -- some</p> <p>17 other people on the team.</p> <p>18 Q And is that information discerned from</p> <p>19 third-party sources that supply the parts?</p> <p>20 MR. JAFFE: Object to form. 15:49:23</p> <p>21 THE WITNESS: I mean, the libraries -- we</p> <p>22 make them. Like, it's not -- they don't come from</p> <p>23 third parties.</p> <p>24 BY MR. CHATTERJEE:</p> <p>25 Q So none of the parts that are in that 15:49:29</p> <p style="text-align: right;">Page 86</p>	<p>1 footprints for -- as a recommendation for -- for 15:50:29</p> <p>2 implementation. In this case, you know, we -- we</p> <p>3 use the recommendation. It's something that they</p> <p>4 give to us.</p> <p>5 MR. CHATTERJEE: I think I'm done with the 15:50:42</p> <p>6 30(b)(6). So we can move to the individual</p> <p>7 deposition unless you guys have questions.</p> <p>8 MR. JAFFE: I -- I didn't want to ruin</p> <p>9 your flow here, but I need to go back to the little</p> <p>10 earrings that you put in front of Mr. Droz. 15:50:55</p> <p>11 Because Mr. Levandowski's deposition is</p> <p>12 happening right now, did he give you those earrings?</p> <p>13 MR. CHATTERJEE: I -- I obtained the</p> <p>14 earrings. I don't have to disclose how I got them.</p> <p>15 I did an investigation. 15:51:11</p> <p>16 MR. JAFFE: Did he point you to the</p> <p>17 earrings?</p> <p>18 MR. CHATTERJEE: I'm not putting this on</p> <p>19 the record. We're not discussing this.</p> <p>20 MR. JAFFE: He's being deposed right now. 15:51:16</p> <p>21 MR. CHATTERJEE: That's fine.</p> <p>22 MR. JAFFE: We --</p> <p>23 MR. CHATTERJEE: You can do whatever you</p> <p>24 want.</p> <p>25 MR. JAFFE: I'm entitled to the 15:51:20</p> <p style="text-align: right;">Page 88</p>
<p>1 parts library are based upon data sheets or 15:49:31</p> <p>2 references from a third party?</p> <p>3 MR. JAFFE: Object to form.</p> <p>4 THE WITNESS: They are based on data</p> <p>5 sheets, but the actual layouts and the 15:49:37</p> <p>6 implementation in the database -- we usually do it</p> <p>7 ourselves.</p> <p>8 BY MR. CHATTERJEE:</p> <p>9 Q And they're deriven [sic] from the data</p> <p>10 sheets? 15:49:51</p> <p>11 MR. JAFFE: Object to form.</p> <p>12 THE WITNESS: They usually take the -- you</p> <p>13 know, if data sheets give a specific footprint to</p> <p>14 use, then we would use it; otherwise, we come up</p> <p>15 with our own footprint. 15:49:58</p> <p>16 BY MR. CHATTERJEE:</p> <p>17 Q If I were to look at a print circuit</p> <p>18 board, how would I know what originated with a</p> <p>19 third-party data sheet versus an original design</p> <p>20 from Google or Waymo? 15:50:18</p> <p>21 MR. JAFFE: Object to form.</p> <p>22 THE WITNESS: We don't have specifically a</p> <p>23 footprint here. It usually are -- you know, like,</p> <p>24 most vendors would, you know, like, give you --</p> <p>25 like, in the data sheet, will give you specific 15:50:27</p> <p style="text-align: right;">Page 87</p>	<p>1 opportunity -- 15:51:22</p> <p>2 MR. CHATTERJEE: We're going off the</p> <p>3 record. When I -- I don't have to disclose my work</p> <p>4 product or my investigations.</p> <p>5 MR. JAFFE: Where did they come from? We 15:51:25</p> <p>6 want to depose where they came from. Tell me --</p> <p>7 MR. CHATTERJEE: They came from Seval Oz.</p> <p>8 MR. JAFFE: And how did you get them so we</p> <p>9 can depose that person?</p> <p>10 MR. CHATTERJEE: You can talk to Seval Oz. 15:51:33</p> <p>11 MR. JAFFE: So you're refusing to tell me</p> <p>12 how you got them?</p> <p>13 MR. CHATTERJEE: It's my work product. I</p> <p>14 don't have to disclose how I do my investigations.</p> <p>15 You want to disclose all your investigations? 15:51:43</p> <p>16 Because you've waived privilege, and you're still</p> <p>17 stifling us on it.</p> <p>18 MR. JAFFE: That -- these are completely</p> <p>19 separate issues. I'm trying to find out --</p> <p>20 MR. CHATTERJEE: They're not separate 15:51:47</p> <p>21 issues at all.</p> <p>22 MR. JAFFE: -- where these came from.</p> <p>23 Who is the custodian of these?</p> <p>24 MR. CHATTERJEE: The custodian of these is</p> <p>25 Seval Oz. 15:51:54</p> <p style="text-align: right;">Page 89</p>

Pages 86 to 89

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

<p>1 MR. JAFFE: Okay. And -- 15:51:55</p> <p>2 MR. CHATTERJEE: And now I am because I</p> <p>3 have them.</p> <p>4 We're going off the record. Let's take a</p> <p>5 break. 15:52:01</p> <p>6 MR. JAFFE: That's fine. We can go off</p> <p>7 the record.</p> <p>8 THE VIDEOGRAPHER: Okay. We're going off</p> <p>9 the record. The time is 3:52 p.m., and this</p> <p>10 concludes today's testimony given by Pierre-Yves 15:52:07</p> <p>11 Droz. The total number of media used was one and</p> <p>12 will be retained by Veritext Legal Solutions.</p> <p>13 (TIME NOTED: 3:52 p.m.)</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> <p style="text-align: right;">Page 90</p>	<p>1 I, the undersigned, a Certified Shorthand</p> <p>2 Reporter of the State of California, do hereby</p> <p>3 certify:</p> <p>4 That the foregoing proceedings were taken</p> <p>5 before me at the time and place herein set forth;</p> <p>6 that any witnesses in the foregoing proceedings,</p> <p>7 prior to testifying, were administered an oath; that</p> <p>8 a record of the proceedings was made by me using</p> <p>9 machine shorthand which was thereafter transcribed</p> <p>10 under my direction; that the foregoing is a true</p> <p>11 record of the testimony given.</p> <p>12 Further, that if the foregoing pertains to the</p> <p>13 original transcript of a deposition in a Federal</p> <p>14 Case, before completion of the proceedings, review</p> <p>15 of the transcript [] was [] was not requested.</p> <p>16 I further certify that I am neither</p> <p>17 financially interested in the action nor a relative</p> <p>18 or employee of any attorney or any party to this</p> <p>19 action.</p> <p>20 IN WITNESS WHEREOF, I have this date</p> <p>21 subscribed my name.</p> <p>22 Dated: 8/23/17</p> <p>23</p> <p>24 <%signature%></p> <p>25 Catherine A. Ryan, RMR, CRR</p> <p>CSR No. 8239</p> <p style="text-align: right;">Page 92</p>
<p>1 I, PIERRE-YVES DROZ, do hereby declare</p> <p>2 under penalty of perjury that I have read the</p> <p>3 foregoing transcript; that I have made any</p> <p>4 corrections as appear noted, in ink, initialed by</p> <p>5 me, or attached hereto; that my testimony as</p> <p>6 contained herein, as corrected, is true and correct.</p> <p>7 EXECUTED this _____ day of _____,</p> <p>8 2017, at _____,</p> <p>9 (City) (State)</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14 _____</p> <p>15 PIERRE-YVES DROZ</p> <p>16 VOLUME I</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> <p style="text-align: right;">Page 91</p>	